

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

SWORN STATEMENT OF DENNIS P. CARLSON, JR.
February 20, 2004
Tucson, Arizona

BOULEY, SCHLESINGER & SCHIPPERS
Certified Court Reporters
By: Kenneth W. Schippers (CCR# 50248)
2030 North Forbes, #103
Tucson, Arizona 85745
(520) 623-7573

1 SWORN STATEMENT OF DENNIS P. CARLSON, JR.
February 20, 2004
Tucson, Arizona

3

4 I N D E X

5	EVENT	PAGE
6	Examination by: MR. KASTER	4
8	EXHIBITS MARKED FOR IDENTIFICATION	
10	NUMBER	PAGE
11	1: CURRICULUM VITAE	4
12	2: CUTAWAY OF MODERN STEEL BELTED RADIAL TIRE	8
13	3: STEEL CORD PULL TEST RESULTS (COLOR PHOTOGRAPH)	19
14	4: PAPER ON PRESSURE GROOVES AND ANALYSIS OF DATA	45

18 *****

19
20
21
22
23
24
25

1 APPEARANCES:

2
3 B.R. KASTER, ESQ.
125 N.E. First Avenue
4 Ocala, Florida 34470

5
6
7
8 BE IT REMEMBERED that pursuant to notice for
9 taking sworn statements, the SWORN STATEMENT OF DENNIS
10 P. CARLSON, JR., was taken upon oral examination at
11 the Offices of Dennis P. Carlson, Jr., 1548-A South
12 Euclid, in the City of Tucson, County of Pima, State
13 of Arizona, before Kenneth W. Schippers, a Certified
14 Court Reporter (CCR #50248), in and for the State of
15 Arizona, on February 20, 2004, commencing at 8:30 a.m.

16 * * *

17
18
19
20
21
22
23
24
25

1 DENNIS P. CARLSON, JR,
2 having first been duly sworn to tell the truth, the
3 whole truth and nothing but the truth, testified as
4 follows:

5
6 EXAMINATION

7 BY MR. KASTER:

8 Q State your name, please, sir.

9 A Dennis P. Carlson, Junior.

10 Q Mr. Carlson, what is your profession?

11 A I'm a tire consultant and professional
12 engineer.

13 Q I wonder if you would give me the benefit
14 of your educational background, please?

15 A I have a bachelor of mechanical
16 engineering from the Georgia Institute of Technology
17 and a master of science degree from the Georgia
18 Institute of Technology.

19 Q Mr. Carlson, do you have a current CV?

20 A Yes, I do.

21 Q I'm going to attach that as an exhibit to
22 this statement. We'll make that Exhibit 1.
23 (Deposition Exhibit No. 1 marked for
24 identification.)

25 Q (By Mr. Kaster:) I wonder if you would

1 take a few minutes, Mr. Carlson, and tell us about
2 your experience in the tire industry?

3 A For 10 and a half years I was an engineer
4 that worked for Michelin Americas Research &
5 Development Corporation. I had experience in testing
6 and designing of tires while I was there.

7 Q Does that include steel belted radial
8 tires?

9 A Yes, they were all steel belted radial
10 tires.

11 Q Does it include light truck tires?

12 A Yes, it does.

13 Q Mr. Carlson, is there any substantial
14 difference in the design components of a light truck
15 steel belted radial tire and a passenger steel belted
16 radial tire?

17 A No, especially in the LT series of light
18 truck tires they are virtually the same as passenger
19 tires, passenger radial tires. In fact, we use the
20 same design techniques and many of the same testing
21 procedures to develop light truck tires for the LT
22 series light truck tires as the passenger group was
23 using.

24 Q Well, I wonder, Mr. Carlson, if we might
25 just take a minute and go through the basic components

1 of a modern steel belted radial tire starting from the
2 tread and then going down through the tire.

3 A Well, the tread is the component of
4 rubber material that touches the road. The tread
5 usually has three components; one is the tread that
6 touches the road. Underneath is what is called a base
7 tread which has different properties to reduce the
8 temperature and the rolling resistance of the tire,
9 and then there's a third component usually called the
10 under tread which has the purpose of increasing the
11 rubber adhesion to the end of the steel cords. Above
12 the steel belts there should be nylon cap plies.

13 After that you have two reinforcing
14 layers of steel cords that are referred to as
15 stabilizer plies or steel belts or breakers by the
16 British. These are composed of little strands of
17 wires that are twisted into cables. The cables are
18 then laid parallel to each other to form a belt. They
19 are surrounded by a type of rubber called skim stock
20 rubber which has a property where it is able to stick
21 to the steel belts.

22 There's usually two steel belts in the
23 tread. They are lined at angles that are
24 approximately 20 degrees plus or minus from the center
25 line of the tire. The second belt is in the opposite

1 direction so this forms a grid of reinforcing
2 material.

3 Between the edges of the steel belts you
4 have a component called a wedge which separates the
5 ends of the belts and reduces the stresses in that
6 area. Under the belts are a component called the
7 cushions which separate the end of the belts from the
8 carcass ply and also help reduce stresses at the edges
9 of the belts and provide a better profile of the belts
10 so that the tire works better.

11 Under the cushion and the steel belts is
12 the carcass plies. These are composed of polyester
13 cords that are laid parallel to each other and are
14 composed of polyester fibers in most tires and they
15 run from one side of the tire all the way to the other
16 under the beads. They form the body of the tire, and
17 the beads, which are in the area that touches the rim
18 of the wheel, are steel hoops that provide the base of
19 the tire and reduce the effect of trying to blow the
20 tire off the rim from the inflation pressure.

21 The innermost component is the inner
22 liner which acts as the tube of a tubeless tire.

23 Q Mr. Carlson, you have a cutaway here in
24 your office that's a cutaway of a modern steel belted
25 tire. Does it depict most of these components that

1 you've just talked about?

2 A Yes, it does.

3 Q We'll attach that as Exhibit 2 to your
4 statement.

5 (Deposition Exhibit No. 2 marked for
6 identification.)

7 Q (By Mr. Kaster:) Mr. Carlson, one of the
8 things you mentioned was an under tread?

9 A Yes.

10 Q What is the purpose of the under tread in
11 a modern steel belted radial tire?

12 A Well, the under tread is a very special
13 component because one of the weaknesses of the steel
14 belted radial design is the fact that they use what is
15 sometimes known as the brass sulfur method of
16 achieving adhesion from the steel to the rubber.
17 Steel naturally doesn't adhere to most rubbers, but
18 the way that has been used in most steel belted
19 radials to achieve this adhesion is that the steel is
20 covered with brass and the skim stock rubber which
21 surrounds the steel has an excess of sulfur and
22 through a chemical process the sulfur allows the brass
23 to adhere to the rubber so therefore you achieve
24 adhesion, but the problem with this is that at the
25 edges of the belts the manufacturing process cuts the

1 belts to form these edges and there is no brass
2 coating.

3 The under tread is composed of a very
4 special rubber that does have some adhesion to bare
5 steel. It's a very expensive and very special rubber
6 and cannot be used for the main process but it
7 provides critical adhesion at the end of the belts.

8 Q Does it serve any purpose in the
9 manufacturing process before the tire is vulcanized?

10 A Yes, it does. It also provides a level
11 of adhesion in the green tire that prevents the belts
12 and other products from shifting in the green state
13 which is a state before it is cured and the tire is
14 very delicate at this stage and the products can move
15 either before it's put in the mold or in the mold and
16 the under tread has the properties where it prevents
17 some of this movement.

18 Q In other words, would it prevent, for
19 example, the steel belts from shifting and becoming
20 misaligned during handling before the tire is cured?

21 A Yes.

22 Q You've used the term green tire,
23 Mr. Carlson. Explain to us what a green tire is.

24 A A green tire essentially is the tire
25 before it is vulcanized. Vulcanization provides

1 adhesion between the rubber components, it provides
2 adhesion between the steel components and the rubber
3 components and it also changes the chemical properties
4 of the rubber.

5 The vulcanization is a process where the
6 rubber itself changes form drastically from a material
7 that's more like modeling clay and has no permanent
8 form to a tough elastic material that we see in the
9 finished product known as the tire.

10 Q Mr. Carlson, I'm going to come back to
11 the diagram that we've marked as Exhibit 2 and ask you
12 some other questions about components of the tire in a
13 moment, but first I would like to ask you to tell us
14 what a tread belt detachment is?

15 A A tread belt detachment is where the
16 tread and outer steel belt come off of the tire, they
17 separate between the two steel belts and this belt and
18 the tread come off while the vehicle is running.

19 Q Is that also commonly referred to by
20 people in the industry as a tread belt separation?

21 A That's right.

22 Q Or a tread separation?

23 A Tread separation is one of the common
24 names for it although technically it's not quite right
25 but it is the term that is used most often to describe

1 this failure.

2 Q So if we say tread separation or tread
3 belt separation or tread belt detachment, as far as
4 our discussion today, that will be the same thing?

5 A Yes.

6 Q Is a tread belt detachment during service
7 while a tire is in service, is that a dangerous event?

8 A Yes, it is.

9 Q And why is that, Mr. Carlson?

10 A Because it drastically affects handling
11 and the stability of the vehicle. When you have a
12 tread and outer belt detachment you have noise,
13 vibration and drag put on the vehicle which causes an
14 immediate steering effect and change in the vehicle
15 handling. There is also a loss of traction in the
16 tire, a loss of height and a loss of the friction
17 capability of the tire on the road.

18 When you put a steering input into a
19 vehicle and then you change the vehicle handling
20 characteristics drastically all in a matter of a
21 second or so, you have a serious potential hazard.

22 Q Is this the type of accident that we're
23 familiar with as a result of the Firestone ATX and
24 Wilderness AT tires on Explorers?

25 A Yes, that's exactly what we saw in the

1 Explorer Firestone ATX problem.

2 Q Mr. Carlson, during the course of your
3 career as a failure analyst, have you had occasion to
4 be involved in accident reconstruction or vehicle
5 handling?

6 A Yes, I have.

7 Q Would you tell about that, please, sir?

8 A I worked for many years with SEA as an
9 accident reconstructionist, a vehicle accident
10 reconstructionist. I did approximately 600 vehicle
11 accident reconstructions. I also, at my time at
12 Michelin, being a tire designer I had to work with
13 vehicle dynamic parameters as related to the tire.

14 For instance, one of the machines I ran
15 in my first job at Michelin developed the handling
16 parameters for GM's computer program. This involved
17 the cornering coefficient, the aligning torque
18 coefficient and the load sensitivity coefficients.

19 Then I was, when I was a tire designer, I
20 was responsible for developing tires for Ford,
21 Chrysler and GM, and in that we did many handling
22 tests which are part of the criteria of when you
23 develop an OE tire, an original equipment tire.

24 Q When you were affiliated with SAE, did
25 you also do tire failure analysis there in addition to

1 accident reconstruction and vehicle handling dynamics?

2 A Yes, I did.

3 Q Mr. Carlson, how many years have you been
4 involved in tire failure analysis or tire engineering?

5 A 26 years.

6 Q I want to return now to the tread belt
7 detachment question. During the course of your career
8 approximately how many tread belt detachment tires
9 have you examined?

10 A More than 2,000. I started at Michelin
11 because the tread separation was our primary concern,
12 both at the time that I was a tire tester and the time
13 that I was a tire designer. When I was a tire
14 designer I was responsible for making construction and
15 design changes to improve the durability of the tire
16 in relation to separations. This involved, for
17 instance, changing the wedge size and testing the
18 tires to separation failure in our very special
19 methods that we had to develop failures in these
20 tires.

21 And then for five years at the test track
22 I ran the tests that developed separation failures
23 using scientific procedures.

24 Q Scientific procedures?

25 A Yes, scientific procedures were used to

1 develop these failures in a controlled and
2 reproducible way. And this was the primary test of
3 adhesion for Michelin Tire Corporation.

4 Q Mr. Carlson, is tread belt
5 detachment one of the most common modes of failure of
6 steel belted radial tires?

7 A Yes, it is.

8 Q Has it been since they've gone into
9 production in the 1960's?

10 A Yes, ever since the first one in 1948.

11 Q Actually '48?

12 A Yes.

13 Q What causes a steel belted radial tire to
14 suffer tread belt detachment during normal service?

15 A Improper design or improper manufacture.

16 Q Should a steel belted radial tire that's
17 properly designed and properly manufactured experience
18 tread belt separation during the normal course of
19 service during its useful life if there's not a design
20 or manufacturing defect?

21 A No, they should not.

22 Q When you examine a steel belted radial
23 tire that has experienced a tread belt detachment
24 what is the procedure that you follow?

25 A The first part of the procedure is I use

1 a visual and tactile examination of the tire and then
2 I note any unusual factors that I see in the tire and
3 then I take that information and test it by
4 considering the possible causes of this failure and
5 see which one is the most appropriate.

6 Q Mr. Carlson, if a consumer were to run
7 their tire under inflated, would that cause a tread
8 belt separation in properly designed and constructed
9 steel belted radial tire?

10 A No, it would not.

11 Q Would the penetration of a nail or some
12 penetrating object cause a tread belt separation in a
13 properly designed and constructed steel belted radial
14 tire?

15 A No, it would not.

16 Q If a consumer experienced a penetration
17 of some kind and had it repaired with a plug, would
18 that cause tread belt separation in a properly
19 designed and constructed steel belted radial tire?

20 A No, they should not.

21 Q If a consumer were to hit a pothole or
22 some other object were to have an impact on their
23 tire, should that cause a tread belt detachment in a
24 properly designed and constructed steel belted radial
25 tire?

1 A No.

2 Q Can impact damage cause tire failure?

3 A Yes, it can.

4 Q What type of failure is caused by impact
5 damage?

6 A Generally you have a very localized
7 failure. They usually run into two or three types of
8 localized failure but you don't get a complete
9 separation unless the tire is defective in some way.

10 Q Within a reasonable degree of engineering
11 probability is there anything that a consumer can do
12 to a tire to cause the tire to have a tread belt
13 separation?

14 A No. In the real world with consumers it
15 is virtually impossible to develop a tread and outer
16 belt separation in a properly designed and
17 manufactured tire.

18 Q Now if a tire is worn past its normal
19 useful life so that the cords are exposed to the
20 atmosphere and moisture could get into the body of the
21 tire, can that cause or contribute to tread belt
22 separation?

23 A Yes, it can.

24 Q And when you conduct a visual and tactile
25 examination of the tire, is that readily discernible

1 to you?

2 A Oh, yes, very easy. It's very easy to
3 see.

4 Q When you conduct your visual and tactile
5 examination, what are you looking for in order to
6 determine whether there's a design or manufacturing
7 defect?

8 A In the area of manufacturing defects we
9 look for characteristics of the type of loss of
10 adhesion in the tire. We look for the polishing; the
11 shape and type and area of the polishing. We look for
12 pattern marks which indicate bad adhesion from the
13 manufacturing process in the rubber to rubber layers.
14 We look for bare cables that indicate a loss of
15 adhesion from the steel to the rubber.

16 We look for manufacturing defects that
17 cause an increase in the stress such as misaligned
18 belts and a lack of wedging material.

19 Q Mr. Carlson, you gave me some examples of
20 some of the things that you are looking for in order
21 to determine whether there's a manufacturing defect?

22 A Yes.

23 Q Is that an all-inclusive list?

24 A No, it's not.

25 Q Is there actual, physical evidence on the

1 tire of the things that you have just talked about?

2 A Yes, there are and there's many others
3 that we could talk about, also.

4 Q But if you were to find, for example,
5 liner pattern marks, that would be something that
6 another engineer could look at and also see as
7 physical evidence on the tire?

8 A That's right.

9 Q And the same thing with misalignment of
10 belts, if you found that the steel belts were
11 misaligned then another engineer who came after you
12 would look at it and see the same misalignment?

13 A That's right.

14 Q Bare steel cord?

15 A Yes.

16 Q I'm going to stop at bare steel cord for
17 just a moment. You've told us that the steel cord in
18 the modern steel belted radial tires are steel end
19 cables that are coated with a layer of brass if I
20 understood you correctly?

21 A That's right.

22 Q What's the purpose of the brass layer?

23 A To achieve adhesion to the skim stock
24 rubber.

25 Q Why is that important?

1 A Well, it has to stick together. Every
2 part of the tire has to stick to every other part of
3 the tire and that's achieved through different
4 mechanisms. Most of the rubber components stick to
5 each other in the vulcanization process by a process
6 called cross linking which is similar to the
7 vulcanization but the steel has to adhere to the
8 rubber and that's not a very easy thing to do so the
9 steel is coated with brass and there's extra sulfur
10 put in the surrounding rubber.

11 The sulfur forms a compound with the
12 brass component which are copper and zinc. It forms
13 copper sulfide and brass sulfide so therefore you
14 achieve adhesion from the steel to the rubber by
15 putting another element in between the two.

16 Q Mr. Carlson, is the rubber that's used to
17 adhere to the steel cord what's commonly referred to
18 as skim stock?

19 A Yes.

20 Q Is that an important component in steel
21 belted radial tires?

22 A It's one of two most important compounds
23 in a steel belted radial tire. The other being an
24 inner liner.

25 (Deposition Exhibit No. 3 marked for

1 identification.)

2 Q (By Mr. Kaster:) Mr. Carlson, let me
3 hand you what I've marked as Exhibit 3 and ask you if
4 that's the type of depiction that you are familiar
5 with?

6 A Yes, this is a picture that shows steel
7 cord similar to what is being used in a steel belted
8 radial after a pull test which is a type of adhesion
9 test, a standard adhesion test and you essentially
10 cure a block of rubber with steel cords in it and you
11 pull them and one of the measurements that you do is
12 the amount of coverage. This graph shows five stages
13 of coverage from a hundred percent to zero percent.

14 Q Is this the type of test that's done on a
15 continual basis by all steel belted radial tire
16 manufacturers?

17 A It's done on nearly ever batch of skim
18 stock ever produced.

19 Q Is it a quality control procedure?

20 A Yes.

21 Q Is it a necessary quality control
22 measure?

23 A Very much so.

24 Q And what level of coverage is necessary
25 in order for a batch of belt wire cord wire to be

1 released into production?

2 A Generally very close to a hundred percent
3 of coverage.

4 Q If the pull test resulted in coverage of
5 say zero to 50 percent, should that wire be used?

6 A No.

7 Q If it resulted in 50 percent of coverage,
8 would you recommend that the wire be used?

9 A Probably not.

10 Q And why is it so important to have this
11 bond between the skim coat and the steel cords in a
12 steel belted radial tire?

13 A To prevent failures. It's one of the
14 most difficult processes of making steel belted
15 radials and it has a direct relationship to the life
16 of the tire, of the fatigue life of the tire.

17 Q Mr. Carlson, we've kind of gotten off on
18 a tangent there, and I apologize, you were explaining
19 to me what you do in your failure analysis of a tire
20 that's suffered a tread belt detachment and you've
21 given me some of the manufacturing defects that you
22 look for that's manifested in physical evidence. What
23 else do you do?

24 A We look carefully at the material to see
25 if there's evidence of advanced aging. The brittle

1 element of skim stock is an indicator that the skim
2 stock does not have enough anti-degradants in the
3 rubber. Another thing we look at, but it's hard to
4 pigeonhole whether it's a design or manufacturing
5 defect, is the inner liner. The inner liner can be
6 made too thin in the area of the shoulder and we look
7 for some of the characteristic signs of that.

8 Q Do you find physical evidence if there's
9 a thin inner liner?

10 A Yes, we can.

11 Q What are some examples of that?

12 A We can see cable shadowings which means
13 that you can see the carcass ply cables, the
14 impressions of the carcass ply through the inner
15 liner. This is a sign of an inner liner that's too
16 thin.

17 Q Do you also, during your examination,
18 look for design defects in a tire that's suffered
19 tread belt detachment?

20 A Yes, we do.

21 Q Tell us about that, please, sir.

22 A Well, there are four classic design
23 elements that we look for that have been developed as
24 countermeasures to steel belted radial separations.
25 One of the first is what is called a belt offset where

1 the bottom belt is made wider than the top belt so
2 that the stress is not concentrated at the edge of two
3 belts but is spread over this distance that you
4 separate the edge of the belts.

5 A common difference in this width at the
6 edge is a quarter inch on each side and we look for
7 that because many belts are laid off center or they
8 may be serpentine which changes this important
9 countermeasure.

10 Q Mr. Carlson, that sounds to me like a
11 manufacturing defect rather than a design defect?

12 A It generally is a manufacturing defect.

13 Q What about the width of the belts
14 themselves, is that design or manufacturing?

15 A That is generally a design defect.

16 Q I'm sorry, I interrupted you. What other
17 things do you look for as far as design defects that
18 manifest themselves in tread belt detachment?

19 A The second countermeasure that was
20 developed was the use of a tread cushion. This is a
21 piece of rubber that has special properties that's put
22 under both belts, at the edge between the belts and
23 the carcass plies and this has the effect of
24 flattening the belt package out which reduces some of
25 the stress at the ends of the belts, and we look at

1 the design and the manufacture of the cushion.
2 Frequently we'll see that the separation begins in the
3 cushion which indicates bad adhesion in the cushion.

4 The third is the presence of a wedge
5 which is a type of rubber which is put between the
6 steel belts at the edge. This separates the belts and
7 reduces the stress there.

8 Q You mentioned twice now the stress at the
9 belt edge. Can you explain to us what you're talking
10 about?

11 A The edges of the belts have a very high
12 stress level because of the stress concentration at
13 that point because there is a natural hinge point at
14 this where you go from the stiff belt, steel belt of
15 the tread, to the more flexible sidewall material
16 which is composed of strings of polyester, and when
17 you go from that stiff to the flexible area, you have
18 a natural stress concentration at the edge of the
19 belts.

20 If you do not have some of the other
21 countermeasures such as under treads and such, you
22 also have a problem because of the cut belts, you
23 don't have adhesion at the edge of the belts.

24 Q Because there's no brass on the ends?

25 A Because there's no brass on the ends to

1 have that adhesion. That's why the under tread is so
2 important because of the special rubber that does have
3 some adhesion. Otherwise, you would have separations
4 at the end of each little wire in a brand new tire.

5 Q Okay. We've talked about the inner
6 liner, cushions and belt edge wedges. Are there other
7 design anomalies that you look for in a tire that's
8 suffered tread belt separation during your visual and
9 tactile examination?

10 A Yes, one of the other countermeasures
11 that has been developed approximately 30 years ago was
12 the nylon, zero degree nylon cap ply.

13 Q Is that depicted on your cutaway, your
14 color cutaway that we've made Exhibit 2?

15 A Yes.

16 Q What is the purpose of the nylon cap ply,
17 Mr. Carlson?

18 A It is used to reduce the stress at the
19 edge and reduce tread and outer belt separations.

20 Q Has that been documented in literature
21 over the course of the last several decades?

22 A Yes, it has.

23 Q Has that been proven in actual field
24 experience with actual steel belt radial tires?

25 A Yes, nearly every tire manufacturer I've

1 investigated they have reduces separations by the use
2 of nylon cap plies.

3 Q Would you give us an example of the
4 reduction or elimination of separations through the
5 use of nylon cap plies?

6 A Yes, the most common of the recent
7 examples is Goodyear. They ran into a problem with
8 their light truck tires and did an extensive
9 investigation of their manufacturing design process
10 but they found that the only thing they were sure of
11 was that nylon cap plies would stop the problem of
12 separations in their tires.

13 Q Did it?

14 A Yes, it did.

15 Q And have you seen the documentation from
16 Goodyear and the sworn testimony that verifies that?

17 A Yes, I have been through many of their
18 documents, and not only was there testing verification
19 but there was verification in the results in the real
20 world. There were virtually no separations after they
21 put the nylon cap plies on these tires, whereas they
22 had a large separation problem before they put the
23 nylon cap plies on.

24 Q Mr. Carlson, during your visual and
25 tactile examination of a tire that's suffered a tread

1 belt detachment, do you use any type of illumination
2 or magnification?

3 A Yes, I do.

4 Q And when you complete your examination,
5 are you usually able to determine the cause or causes
6 of the tread belt detachment failure?

7 A Most of the time, yes.

8 Q Do you do additional tests or analysis to
9 support your initial conclusions or opinions?

10 A Yes, I do.

11 Q Okay. Would you tell us about some of
12 the things you do to provide you with additional
13 information in support of your opinions or conclusions
14 or in order to help you reach opinions or conclusions?

15 A Generally we use x-rays. X-rays have a
16 great value in depicting the joints that are put in
17 the steel belts, and many times you cannot find these
18 in the failed tire but they will lead to where there
19 is an abnormality in the steel belt manufacture that
20 contributes to the start of the separation. They also
21 give evidence of the condition of the tire's
22 manufacture in the belt area.

23 Q Mr. Carlson, do you do what's known as
24 cut tire analysis?

25 A Yes, we do.

1 Q Tell us what that is first and then I
2 want to ask you some questions about that.

3 A Cut tire analysis is where you take an
4 exemplar or companion tire and cut it radially so that
5 you have one or two inches of the tire and can see the
6 internal components of the tire.

7 Q What does that tell you concerning the
8 design of the tire or design defect in the tire?

9 A It tells you what the design of the tire
10 is to a large extent. It shows you not only the
11 design but manufacturing variations of the tire.

12 Q Can you measure the components of the
13 tire such as the thickness of the skim stock or
14 thickness of the various components that are critical
15 in determining whether the tire is appropriately
16 designed?

17 A Yes, typically when I look at a tire I
18 measure the wedge thickness, the wedge width, the
19 offsets and the inner liner thickness.

20 Q Have you done cut tire analysis on all of
21 the tire manufacturers that produce tires for use in
22 this country over the course of your career?

23 A Yes, we have more than 400 that we've
24 looked at recently.

25 Q Does that include Firestone, Cooper,

1 Goodyear, General and others?

2 A Yes, and we're building up a library of
3 foreign tires, also.

4 Q Are you aware that the tire industry
5 routinely does cut tire analysis of their own tires
6 and their competitor's tires?

7 A Yes, I did this when I was at Michelin
8 and I reviewed some results from manufacturers and
9 they use cut tire analysis every day in every plant in
10 the United States and in the world.

11 Q Why would they do a cut tire analysis of
12 tires in the plant?

13 A Cut tire analysis is the main tool to
14 determine whether a tire meets the specs that the tire
15 manufacturer has set for their tires.

16 Q Obviously you can only do a random
17 sampling to do a cut tire analysis because it destroys
18 the tire?

19 A That's right.

20 Q If I understand what you're telling us,
21 every tire manufacturer on a daily basis does cut tire
22 analysis of samples of tires they have produced to
23 make sure that their manufacturing process is
24 appropriate and that they have not made any mistakes
25 in the manufacturing process that would affect the

1 durability of the tire?

2 A That's right. Typically when a
3 manufacturer starts a run of a certain type of tire,
4 they will set up a machine, they will build a few
5 tires and the first thing they do, they'll cut them,
6 they'll send them to a department that will analyze
7 these and compare them to the spec. If they receive
8 the okay, then they will start production on those
9 machines using that setup.

10 Q Is cut tire analysis performed by any
11 independent entities that you are aware of?

12 A Yes, it is.

13 Q Tell me about that, please, sir.

14 A There is at least one company who
15 publishes cut tire analysis regularly and sells the
16 service to the tire manufacturers.

17 Q Mr. Carlson, are you familiar with the
18 entity or entities that conduct cut tire analysis?

19 A Yes.

20 Q And who would that include?

21 A Smithers is the most common practitioner
22 of this. They make reports and sell them to the tire
23 companies.

24 Q And what does that company provide in the
25 way of information as a result of the cut tire

1 analysis?

2 A It provides them the physical dimensions
3 of the components of the tire. It provides them with
4 some rubber analysis done with a type of analysis you
5 can do with cured tires. It provides photographs of
6 the tread and the wire constructions.

7 Q Are you aware of whether tire
8 manufacturers reverse engineer their competitors'
9 products.

10 A Yes.

11 Q Have you ever done reverse engineering on
12 a steel belted radial tire?

13 A Yes, I have.

14 Q Does that include the cut tire analysis
15 you're talking about?

16 A Yes.

17 Q Have you done any reverse engineering to
18 determine the chemical composition of any steel belted
19 radial tire?

20 A Yes, I have.

21 Q And how did you accomplish that?

22 A I have obtained rubber samples in the
23 green state and they are being chemically analyzed.

24 Q And you mentioned that Smithers does a
25 chemical analysis of the cut tires. Those would be

1 cut tires?

2 A Yes.

3 Q Mr. Carlson, when you are analyzing a
4 tire that's suffered a tread belt detachment after you
5 have completed your initial visual and tactile
6 examination and whatever x-ray testing or other
7 testing that you believe is appropriate, do you reach
8 a preliminary opinion concerning the cause and mode of
9 failure?

10 A Generally, yes.

11 Q After you have reached that opinion, do
12 you consider the cut tire analysis for the tire
13 manufacturer that you're undertaking that manufacture
14 the tire?

15 A Yes.

16 Q Do you consider prior similar failures by
17 the same manufacturer in the cases that you have
18 analyzed tread belt detachments?

19 A Yes, I do.

20 Q Do you review manufacturing records from
21 the manufacturer who manufactured the tire?

22 A When I can, I do.

23 Q And among the records that you would want
24 to review, what do those include?

25 A Manufacturing records such as the

1 specifications. This includes the green tire and the
2 cured tire specs.

3 Q Why do you want to look at both the green
4 tire and cured tire specs?

5 A The green tire and cured tire specs are
6 both necessary to determine the design of the tire and
7 the manufacturing technique. For instance, the green
8 tire spec gives you the components that are put in the
9 tire, the order in which they are put in and certain
10 features about how the manufacturing process is
11 performed.

12 The cured tire spec tells you the sizes
13 of the components as they are designed, as they were
14 designed to be.

15 Q Does the gauge or thickness of components
16 change from the green tire state to the cured tire
17 state?

18 A Yes, for instance, the inner liner which
19 is one of the most important pieces of rubber in the
20 tire, frequently in the as-built condition is half the
21 thickness of the green configuration.

22 Q Is one able to determine whether the
23 design gauge of a tire is appropriate by just the
24 green tire specs?

25 A No, it's not.

1 Q Are there other records that you review
2 for the manufacturer that relate to your opinions
3 concerning the cause of failure?

4 A Yes. One is the developmental testing
5 results and the surveillance testing results that are
6 performed on all tires. When I mean design you look
7 at the original design testing to see the actual
8 durability of the tire related to other tires in the
9 plant or competitors' tires.

10 Q What type of durability testing is
11 routinely conducted by tire manufacturers?

12 A One is frequently called the ATE
13 test, Accelerated Tire Endurance test. This is a test
14 where tire is run near the design maximums for a long
15 period of time, then cut tire analysis or some other
16 analysis like holography or shearography is done on
17 the tires to see how far the separations have
18 progressed during this more or less real world test.

19 Q Have you reviewed tire durability testing
20 including holographic testing and cut tire analysis of
21 tires that have been tested in durability testing by
22 manufacturers?

23 A Yes, I have. In fact, I also ran this
24 test for four and a half years at Michelin.

25 Q Is this important data to review in order

1 to determine whether a tire is likely to suffer tread
2 belt detachment during the course of its useful life?

3 A Yes, it is very important. When you
4 design something you start out deciding what the life
5 of your component is or whatever you're designing but
6 you have to test it to see if you've achieved those
7 goals.

8 Q In addition to reviewing the green tire
9 specifications and the cured tire specifications and
10 the durability testing and the holographic and cut
11 tire analysis of durability testing, are there other
12 manufacturing records that you routinely review that
13 you find supports your opinions or conclusions?

14 A Yes, as I mentioned, the quality control
15 documents involve a large range of documents. One of
16 these is in-plant types of reports. These can be
17 anything from the machine capability studies that are
18 done on tires by the quality control department to
19 downgrade reports and various types of nonconformity
20 reports. The quality control department should catch
21 any nonconformities in the manufacturing or design
22 process in the manufacturing and they write reports on
23 this and these frequently relate to the types of
24 failures that we see in the field.

25 Another important part of the quality

1 control process is the adjustment records. There are
2 generally three types of reports that are produced by
3 companies about their tire's performance in the
4 field. One is adjustment records which involve tires
5 that are brought back to the dealers that have some
6 complaint by their owner. This type of data is used
7 by the tire companies and is compiled in computers and
8 reviewed by their designers and their manufacturing
9 people to see how their products perform in the field.

10 Q Okay. I'm going to come back to that in
11 just a minute but let me ask you, are there any other
12 manufacturing records that you routinely do in support
13 of your opinions or conclusions?

14 A Yes.

15 Q What would that be?

16 A There's cure charts and curing records.

17 Q Why are they important?

18 A Well, curing records, the vulcanization
19 is one of the major parts of the production of a
20 tire. It has produced, besides the Firestone 500 and
21 the Firestone ATX and Wilderness AT recalls, it has
22 produced perhaps more recalls than any other reason.

23 Q Is this something that tire designers
24 review when they are trying to determine why they have
25 a problem with a tire in the field that suffered tread

1 belt detachment?
 2 A Yes, it is one of the first things they
 3 look at.
 4 Q You've mentioned adjustment reports.
 5 What is the source of the data for adjustment records?
 6 A The consumer.
 7 Q What do you mean by that?
 8 A The consumer, when he has a problem with
 9 the tire, takes it back to his dealer and tells him
 10 about the problem. This generates an adjustment
 11 report that works its way into the computer at the
 12 tire company.
 13 Q And if this is a consumer complaint, is
 14 this what would be considered in tire engineering or
 15 tire manufacturing to be a trade secret?
 16 A No.
 17 Q Do tire manufacturers attempt to prevent
 18 people from obtaining the adjustment data?
 19 A Yes.
 20 Q If people do obtain the adjustment data,
 21 does it give them an indication of a particular tire
 22 line's durability in service?
 23 A Yes.
 24 Q In the ATX and Wilderness recall, for
 25 example, did adjustment data play a significant part

1 as far as the failure of the tire?
 2 A No.
 3 Q Why not?
 4 A Well, because these failures start at one
 5 year but they peak at about three years, three years
 6 out in the service but tires are used for a lot longer
 7 period than that and you have significant failures
 8 after four or five years.
 9 Q Can you make any determination looking
 10 at, say, for example, a 10 year window of tread
 11 separation categories of the effects of changes in the
 12 design of the tire during that period of time?
 13 A Well, any changes would have to be
 14 followed for a number of years after that.
 15 Q Mr. Carlson, when one looks at adjustment
 16 data to determine the extent of tread belt separation
 17 failures or tread belt detachments, how many
 18 categories does one look at?
 19 A Generally seven to 10. Every tire
 20 company has perhaps 200 different categories that they
 21 look at among adjustment. We have found that seven to
 22 10 of these categories reflect tread or outer belt
 23 separations either that have occurred or are about to
 24 occur.
 25 Q I recall having seen data on adjustment

1 in evaluating the problem?
 2 A No, it did not.
 3 Q Should it have?
 4 A It should have.
 5 Q Have you reviewed that adjustment data?
 6 A Yes, I have.
 7 Q And does it indicate that there was
 8 problem with the ATX and Wilderness?
 9 A I believe it does.
 10 Q For how long a period of time does that
 11 adjustment data cover?
 12 A Almost 10 years.
 13 Q Is that an appropriate period of time to
 14 analyze a tire's performance in the field?
 15 A Yes, it is.
 16 Q Why not use just one year or two years?
 17 A That doesn't tell you very much about the
 18 tire. The types of failure that you have in a tread
 19 and outer belt separation is what is generally called
 20 a fatigue failure and it usually does not start until
 21 after one year of service.
 22 Q Well, if you pick out a year after a tire
 23 has gone into a service, say five years down the line
 24 and just look at one or two years, does that give you
 25 the appropriate data to be able to analyze the trends

1 reports that reflect tread separations and ride
 2 disturbance, for example. Why would you include ride
 3 disturbance?
 4 A Ride disturbance can be a separation in
 5 progress. They can be caused by a structural failure
 6 in the tire that would indicate that the tire is
 7 separating.
 8 Q When one looks at adjustment data, do
 9 they limit that to just one plant where the tire is
 10 produced?
 11 A Generally not. They look at all tires
 12 that have the same skim stock to start with, they
 13 generally look at everything company-wide because
 14 there's so much uniformity between the manufacturer of
 15 these tires across even a large company.
 16 Q Is there ever comparison made between
 17 tire lines in order to determine whether one line has
 18 more problems than another?
 19 A There are determinations sometimes, but
 20 generally they just look at the whole company over a
 21 long period of time.
 22 Q In the adjustment data analysis that's
 23 provided to manufacturing plants do they break that
 24 down to tire sizes or tire line?
 25 A Generally not. Some people may make a

1 special study but when manufacturers and designers
 2 look at the performance of their tires, they generally
 3 look at the whole plant or the whole company.
 4 Q And have you seen data from various
 5 manufacturers that reflects in the adjustment data all
 6 tires produced at any given plant during the period of
 7 time that they are analyzing the data?
 8 A Yes. One of the most common types of
 9 analysis is they compare the different plants. This
 10 has an effect because it shows first the performance
 11 of one plant relative to another.
 12 Q Mr. Carlson, is there a difference
 13 between what's known as adjustment data and claims
 14 data?
 15 A Yes.
 16 Q What is claims data?
 17 A Claims data, again, comes from the
 18 consumer but the consumer has suffered a tire failure
 19 and he has suffered damage generally and he wants to
 20 get paid for his fender or his vehicle that's been
 21 damaged.
 22 Q Are most of those claims based on tread
 23 belt detachments?
 24 A Yes, they are.
 25 Q Is it important to look at that data in

1 order to get an accurate picture of the extent of a
 2 tread belt detachment problem within a company?
 3 A Yes.
 4 Q And do you also want to look at those
 5 tires?
 6 A Yes.
 7 Q Why?
 8 A Well, these tires are failed tires and I
 9 would like to analyze them to see the effects of the
 10 manufacturing and design abnormalities that would be
 11 seen in this tire that caused this failure.
 12 Q Mr. Carlson, during the course of your
 13 career as a tire failure analyst have you visited
 14 various tire manufacturing facilities in this country?
 15 A Yes, I have.
 16 Q Why?
 17 A This was to assess their manufacturing
 18 processes and the quality of their controls on the
 19 manufacturing process.
 20 Q And what did you learn?
 21 A I learned that there were some very
 22 serious problems at these plants.
 23 Q Have you, during the course of your
 24 career, reviewed sworn testimony of plant employees?
 25 A Yes, I have.

1 Q And was that of any assistance to you in
 2 evaluating the quality control of various
 3 manufacturers?
 4 A Yes, it was. It was very important.
 5 Q Why is that, sir?
 6 A Because it showed many of the things that
 7 go on day to day at a tire plant that can affect the
 8 quality of the tire as related to tread and outer belt
 9 separations.
 10 Q Have you found that testimony of plant
 11 workers to be consistent with the manufacturing
 12 anomalies that you have found in tires that have
 13 suffered tread belt detachment?
 14 A Yes.
 15 Q Has their testimony strongly supported
 16 your opinions on manufacturing defects?
 17 A That's right. They have, yes.
 18 Q Has that included employees from
 19 Firestone?
 20 A Yes.
 21 Q Cooper?
 22 A Yes.
 23 Q Uniroyal?
 24 A Yes.
 25 Q Have you reviewed prior art in reference

1 to tread belt detachment or countermeasures for tread
 2 belt detachment?
 3 A Yes, I have.
 4 Q Can you tell me some of the things that
 5 it includes?
 6 A We looked at the records that had been
 7 presented in the patent literature. There have been
 8 some technical papers that have been written that
 9 we've studied, and then we have the cut tire analysis
 10 on old tires that we've looked at, and we reviewed the
 11 testimony of people from the tire plants and other
 12 people in the industry concerning the development of
 13 tires, and we've also reviewed some of the trade
 14 publications that have been published over the
 15 years. We have some trade publications going back 30
 16 years.
 17 Q Have you reviewed scientific and
 18 engineering literature in the field of tire design and
 19 tread belt detachment?
 20 A Yes.
 21 Q Have you reviewed independent testing
 22 that's been conducted in the area of tread belt
 23 detachments in order to make determinations regarding
 24 tread belt detachments?
 25 A Yes.

1 Q Has the literature that you have
2 reviewed, the prior art and the testing, supported
3 opinions that you have concerning design defects in
4 steel belted radial tires?

5 A Yes.

6 Q Have you, yourself, conducted analysis of
7 steel belted radial tires and written on the subject?

8 A Yes.

9 Q And on what particular subject have you
10 written, Mr. Carlson?

11 A Pressure grooves and analysis of data
12 that has been produced by other people.

13 Q And is a copy of that paper available to
14 us?

15 A Yes.

16 Q We'll attach that as Exhibit 4.

17 (Deposition Exhibit No. 4 marked for
18 identification.)

19 Q (By Mr. Kaster:) When you receive tire
20 manufacturers' data concerning the testing they have
21 conducted, their manufacturing process, their
22 adjustment records and claims data, are you permitted
23 to disclose that in other cases?

24 A No.

25 Q Why not?

1 A There are agreements that are signed that
2 restrict the use of that material, protective orders.

3 Q Mr. Carlson, is the data that you have
4 referred to, the test data, durability testing,
5 manufacturing data that's available to manufacturers,
6 is all of that data made available to you when you're
7 evaluating a tread belt detachment of a manufacturer's
8 tire?

9 A No, it's not.

10 Q Why wouldn't they show you the data that
11 they have available if you've agreed to a protective
12 order?

13 A The only thing I can tell you is that I
14 found that when I receive the manufacturing records
15 that we have been referring to they always support my
16 opinions. The manufacturing records, curing specs,
17 test data and adjustment record documents document the
18 problems that occur in the manufacturing or design
19 process which cause or contribute to the manufacturing
20 defects or design defect in the tire that result in
21 tread belt separations.

22 Q And when you have been given the data
23 that's available to the manufacturer concerning the
24 failed tire, has that strongly supported your opinions
25 and conclusions?

1 A Yes, it has.

2 Q Do the manufacturers usually attack you
3 or your credentials in litigation to try to have your
4 opinions limited or struck?

5 A Yes.

6 Q Are they usually successful?

7 A Rarely are they successful.

8 Q When you have been provided with the
9 manufacturing records and the data that we've talked
10 about, the curing data, test data, quality control
11 data, adjustment records and such, in your experience
12 does that provide you support for your opinions which
13 makes it more difficult for the manufacturer to limit
14 your opinions or testimony?

15 A Yes, it does.

16 Q Does it level the playing field,
17 Mr. Carlson?

18 A Yes.

19 Q Is there any other way that you can get
20 this information other than from the manufacturer?

21 A No.

22 Q Mr. Carlson, I want to go back to
23 something you mentioned. You said monthly adjustment
24 reports. What are monthly adjustment reports?

25 A There are adjustment records that are

1 delivered to the manufacturers monthly in some
2 plants. Sometimes they are quarterly but there will
3 be a report that's generated every month having to do
4 with the performance of the tire in the field.

5 Q And based on your knowledge gained from
6 the sworn testimony of manufacturers' representatives,
7 do they rely on these monthly reports in the
8 manufacturing process in order to try and improve the
9 quality of the tire?

10 A Yes. It provides an early warning in
11 some cases to the manufacturing people and the design
12 people.

13 Q Is this one of the pieces of data that
14 would be important to you in your analysis of a
15 particular tire plant's or manufacturer's steel belted
16 radial tire tread belt separation failures?

17 A Yes.

18 Q The technique that you use, Mr. Carlson,
19 in your visual and tactile examination of the failed
20 tire that's experienced tread belt detachment, is that
21 the same procedure and technique that's followed by
22 experts in the field who are employed on behalf of
23 manufacturers as well as independent experts such as
24 yourself?

25 A Yes.

1 Q Is the methodology that you follow the
2 same methodology that you used at Michelin and the
3 same methodology used by tire failure analysts
4 generally?

5 A Yes.

6 Q I would like you to now, Mr. Carlson, if
7 you would, tell us about your experience in analyzing
8 Firestone tires.

9 A Well, I was the principal tire expert for
10 the group of attorneys general from the 50 States who
11 analyzed the Firestone ATX and Wilderness AT
12 separation problem, and I assisted the attorneys
13 general in their investigation of this problem over a
14 period of almost two years.

15 Q Mr. Carlson, did that include the
16 attorney general for every state?

17 A Yes, it did.

18 Q Florida, Texas, California, Arizona,
19 Utah?

20 A All 50 states.

21 Q Did you actually deal directly with every
22 attorney general?

23 A No, there was a core group that handled
24 the investigation from four or five states and I
25 worked directly with them.

1 Q Did all of the attorney general's to your
2 knowledge rely on your expertise in trying to
3 determine the cause for the tread belt separation or
4 tread belt detach. Of the Firestone tires?

5 A Yes.

6 Q And were you privy to trade secret
7 documents and protected documents in your role as
8 expert for the attorneys general?

9 A Yes.

10 Q Have you had other experience in
11 analyzing Firestone tires that have suffered tread
12 belt detachments?

13 A Yes, I have.

14 Q Would you tell me briefly about that,
15 please, sir?

16 A I have been working with -- I had two of
17 the first of the ATX cases, two of the first that
18 achieved national prominence.

19 Q And have you seen Firestone tread belt
20 detachment cases since then for other tires other
21 than, including ATX Wilderness, but other Firestone
22 tires?

23 A Yes, I have.

24 Q Have you found a common failure mode?

25 A Yes, I have.

1 Q Can you tell me, Mr. Carlson, whether you
2 have learned the skim stock formula for Firestone
3 tires, steel belted radial tires?

4 A Yes, I have.

5 Q And have you seen specifications for the
6 inner liner of Firestone tires?

7 A Yes, I have.

8 Q Are you aware of the wire configuration
9 of the belt wires for Firestone tires?

10 A Yes.

11 Q And can you tell me how widely used among
12 Firestone steel belted radial tires is the skim stock
13 that you have seen?

14 A In the whole decade of the 90's this skim
15 stock was used in virtually every standard type of
16 passenger and light truck radial tire.

17 Q Light truck?

18 A I believe the light truck had the same
19 skim stock.

20 Q What about the inner liner?

21 A The inner liner material was the same in
22 virtually all of these tires. It was the same
23 material but it was used under two designations, but
24 it's the same material.

25 Q Did that include both passenger and light

1 truck?

2 A Yes.

3 Q What about the cord wire?

4 A The cord wire was used in virtually all
5 of their passenger radials and some light truck tires.

6 Q And their wedge specification?

7 A Wedge specification was the same in all
8 of those, all the passenger tires. It was changed
9 twice in the decade of the 90's and that change was
10 effected in all passenger radials.

11 Q Mr. Carlson, if there is a failure of a
12 Firestone steel belted radial tire by tread belt
13 detachment, is that limited to one line of tires?

14 A No, it's not.

15 Q One size of tires?

16 A No.

17 Q Does it matter what the tread pattern is?

18 A No, it doesn't.

19 Q Does it matter what the tread width is?

20 A No.

21 Q Does it matter what the load range is?

22 A No.

23 Q Does it matter what the speed rating is?

24 A No.

25 Q What are the common elements, other than

1 skim stock, inner liner, wedge compound and steel
 2 cord?
 3 A The other common elements are the
 4 manufacturing techniques, the machinery, many of the
 5 compounds and the testing procedures, the
 6 manufacturing procedures and the manufacturing quality
 7 control, and the basic construction.
 8 Q As depicted in Exhibit 2 with the
 9 exception of the nylon overlays?
 10 A That's right.
 11 Q Mr. Carlson, have you been provided with
 12 data on when Firestone began using the under tread and
 13 the testing of the effects of under tread on
 14 preventing tread belt separation?
 15 A No.
 16 Q Would you like to see that?
 17 A Yes, I would.
 18 Q Mr. Carlson, if a modern steel belted
 19 radial tire does not include the countermeasures of a
 20 nylon overlay, appropriate wedge, appropriate inner
 21 liner thickness and composition, appropriate skim
 22 stock chemical composition and thickness and an under
 23 tread, is the tire defective in design?
 24 A Yes.
 25 Q Can the defective design lead to tread

1 belt detachment?
 2 A It leads to premature tread belt
 3 separations.
 4 Q How long have these countermeasures, I
 5 think I left out the cushion, and I apologize,
 6 Mr. Carlson, is cushion one of the countermeasures
 7 that should have been included?
 8 A Yes.
 9 Q How long have these countermeasures been
 10 available in the marketplace?
 11 A At least 30 years.
 12 Q Mr. Carlson, are you familiar in the
 13 context of ATX Wilderness testing of what's known as
 14 the Southwest Survey?
 15 A Yes, I am.
 16 Q What is that, sir?
 17 A It was a survey that was done in the
 18 southwest part of the United States by Firestone to
 19 report to Ford about the durability of their tires in
 20 the hotter climates.
 21 Q And have you become aware recently that
 22 they actually did cross-sections of some of those
 23 tires?
 24 A Yes.
 25 Q Would you like to see those?

1 A Yes, I would.
 2 Q Why are they important?
 3 A Because I would like to see not only the
 4 progress of the failure but the manufacturing
 5 variations that existed in those tires and the design
 6 variations that occur.
 7 Q Mr. Carlson, is there any way now to
 8 evaluate that evidence or reproduce that evidence if
 9 it's been destroyed?
 10 A No.
 11 Q Is it critical evidence in the context of
 12 tread belt detachment of ATX or Wilderness tires?
 13 A I believe it is most critical.
 14 Q Does it relate to tread belt detachment
 15 of other tires other than AT?
 16 A Yes, they all have the same skim stock
 17 and construction details and design details.
 18 Q Mr. Carlson, how much experience do you
 19 have evaluating Cooper tread belt detachments?
 20 A A lot.
 21 Q And how would you characterize their
 22 manufacturing process as compared to other
 23 manufacturers you're aware of?
 24 A Very low level of care and capability in
 25 their manufacturing process and control.

1 Q What about the design of their tires, how
 2 does it compare to other tires, say Goodyear,
 3 Michelin, Continental, Pirelli?
 4 A They are very far behind. They don't use
 5 some appropriate countermeasures in their tires.
 6 Q Such as?
 7 A Such as wedges, under treads and nylon
 8 cap plies.
 9 (The sworn statement was then adjourned
 10 at 10:00 a.m.)
 11
 12 --oOo--
 13
 14
 15
 16
 17
 18
 19
 20
 21
 22
 23
 24
 25

1 STATE OF ARIZONA)
2) ss.
3 COUNTY OF PIMA)

4 BE IT KNOWN that I, Kenneth W. Schippers, took the
5 foregoing sworn statement pursuant to notice at the
6 time and place stated in the caption hereto; that I
7 was then and there a Certified Court Reporter (CCR
8 #50248) in and for County of Pima, State of Arizona;
9 that by virtue thereof, I was authorized to administer
10 an oath; that the witness, DENNIS P. CARLSON, JR,
11 before testifying was duly sworn to testify the truth,
12 the whole truth and nothing but the truth; that the
13 testimony of said witness was reduced to writing under
14 my direction and the foregoing pages contain a full,
15 true and correct transcription of my notes of said
16 sworn statement.

17 I FURTHER CERTIFY that I am not of counsel nor
18 attorney for either or any of the parties to said
19 action or otherwise interested in the event thereof,
20 and that I am not related to either or any of the
21 parties to said cause.

22 IN WITNESS WHEREOF, I have hereunto subscribed
23 my name this 3rd day of March, 2004.

24 _____
25 CERTIFIED SHORTHAND REPORTER